

UNITED STATES DISTRICT COURT
WESTERN DISTRICT OF MISSOURI

EVELYN LEWY and JACK LEWY,

Plaintiffs,

VS.

REMINGTON ARMS CO., INC.
K-MART CORPORATION,

Defendants.

No. 83-3172-CV-S-2

Springfield, Missouri
June 18, 1986

Volume 12

TRANSCRIPT OF TRIAL
BEFORE THE HONORABLE WILLIAM R. COLLINSON, and a jury

Transcript Ordered By: Jack Headley

APPEARANCES:

For the Plaintiffs:

WILLIAM H. McDONALD, ESQ.
RICHARD C. MILLER, ESQ.
P.O. Box 1245
Springfield, Missouri 65805

For the Defendants:

JACK HEADLEY, ESQ.
JOHN SHAW, ESQ.
2345 Grand Avenue
2600 Mutual Benefit Life Bldg.
Kansas City, Missouri 64108

RODNEY E. LOOMER, ESQ.
P.O. Box 4043
Springfield, Missouri 65808

Court Recorder:

S. Kollmeyer

Transcriber:

Deanna J. Miller
711 North 9th Street
Kansas City, Kansas 66101
(913) 371-4116

1 "Q. And is it your understanding that the general hunting
2 public is aware of an advised of the principle that you
3 shouldn't rely on your safety?

4 "A. That's another safety rule. Use your gun as if there's no
5 safety on it."

6 MR. SHAW: Mr. Miller.

7 "Q. Do you agree with me that even the most careful gun
8 handler who has been around guns, like yourself, for 40 or
9 more years and knows how they operate and knows how dangerous
10 they are -- do you agree that even they are susceptible to
11 making a mistake and pointing the gun in a direction during
12 the unloading process when they shouldn't point it in that
13 direction?

14 "A. Anything is possible.

15 "Q. That could be something that Remington might expect when
16 they produced the gun?

17 "A. No, I don't believe Remington suspected that that could
18 happen. That would happen rather intentionally."

19 MR. PFADLFY: That's the end.

20 MR. SHAW: That's it.

21 THE COURT: Who do we have next?

22 MR. SHAW: Your Honor, defendants call to the stand
23 John Linde.

24 JOHN PAUL LINDE, DEFENDANT'S WITNESS, SWORN

25 DIRECT EXAMINATION

1 BY MR. SHAW:

2 Q. Could you state your name please?

3 A. Yes, I can, John Paul Linde.

4 Q. And what is your current address, Mr. Linde?

5 A. I live at 4806 Pennington Court, Wilmington, Delaware.

6 Q. And what is your educational background?

7 A. I have a bachelor's in mechanical engineering from the
8 University of Wyoming.

9 Q. And could you tell us when you graduated?

10 A. I graduated in 1965.

11 Q. And by whom were you first employed?

12 A. I was employed by Remington Arms Company right out of
13 college, in fact I interviewed at DuPont and my interview
14 lasted for about 11 minutes and of all the opportunities I said
15 I wanted to go to work for Remington.

16 Q. All right. And you started work for Remington then in
17 1966?

18 A. '65.

19 Q. Okay. And what was your first position with Remington.

20 A. I was an engineering assistant and I worked in the test and
21 measurements lab.

22 Q. And what were the kinds of tasks that you performed there?

23 A. I did strain gauge work where you measure the stresses on
24 steel, high speed movies, worked on dry cycle testing, learned
25 about endurance testing, learned about accuracy testing and

1 it's an area where in Remington they usually start the new
2 engineers so that they can learn all about the testing and the
3 instrumentation of firearms.

4 Q. And what was your next position then with Remington?

5 A. Then I moved into being a design engineer and I was
6 assigned small design jobs. I worked under more experienced
7 engineers. I worked on such things as I designed a dry cycle
8 device for a model 788 that would, you know, using relays and
9 switches would open the bolt, throw the bolt all the way to the
10 back, close the bolt, cock it and fire the rifle and so you
11 could take a 788 and you could set it up and you could just let
12 it run hour after hour to put many many cycles on it.

13 Q. And this was when that you were a research engineer?

14 A. Well, I was a design engineer and then I went from a design
15 engineer to a research engineer.

16 Q. Okay. Are we up to research engineer now?

17 A. I guess you are, yes.

18 Q. Okay. And when was that?

19 A. Well, that would be like '68.

20 Q. And what kind of tasks did you perform as a research
21 engineer?

22 A. Well, as a research engineer you're given more responsi-
23 bility and there I started working on feasibility studies. At
24 that time I was working on over and under shotguns. We were
25 looking at making an over and under shotgun so one of my tasks

1 was to buy all the leading competitive over and under shotguns,
2 compare these shotguns from a design-manufacturing processabil-
3 ity feature standpoint to the over and under shotgun that we
4 had made like 30 years before that.

5 Q. All right. Did you move on to another position then within
6 Remington?

7 A. Yes.

8 Q. And what was that?

9 A. I went to senior research engineer and this is again, as
10 they give you more responsibility, as you gain the knowledge
11 and the understanding to make decisions.

12 Q. What were the kinds of tasks you performed as a senior
13 research engineer?

14 A. At this time I had a small group that reported to me and I
15 was the primary designer on the Remington Model 3200 over and
16 under shotgun and from the feasibility study we went from
17 there and started designing a shotgun and the 3200 over and
18 under was a completely new design, that is, there were no parts
19 on there that were interchangeable with any other gun that we
20 had manufactured. So everything on that shotgun from the butt
21 plate screws to the front sight was new.

22 Q. Do you hold any patents on that design?

23 A. Yes, I hold two patents on that design.

24 Q. And what are those patents for?

25 A. Well, one is the basic patent for the firearm and the other

1 is the patent for the unique trigger assembly and safety
2 mechanism.

3 Q. All right. Have we got you up to another position then?
4 Where are you now and where are we now, about 1972?

5 A. Yes, at this time I moved up to senior research supervisor
6 I guess at that time.

7 Q. All right. And what were you doing as a research super-
8 visor?

9 A. Well, here again, they were having more people report to me
10 and as we were moving ahead, the 3200 program was about a \$5
11 million program from the time that you put all the money into
12 the design and then all the equipment that it takes to manufac-
13 ture a shotgun. On a shotgun or a rifle, each part you have to
14 have a fixture to hold the part, you have to have a cutter, you
15 have to have a gauge and so it's very expensive to tool a new
16 shotgun.

17 Q. All right. Let's move on. Did you subsequently become a
18 resaerch manager then, are we moving up the ladder?

19 A. Yes, I did.

20 Q. Okay. And what did you do as a research manager.

21 A. I was a research manager. I picked up, again, more respon-
22 sibility and they gave me more jobs and kept expanding the num-
23 ber of people that reported to me.

24 Q. Now you were a research manager in 1974 I believe.

25 A. Yes, I was.

1 Q. Within your area of responsibility as a research manager
2 did you have responsibility for bolt action rifle products of
3 Remington Arms?

4 A. No, I picked up the bolt action and the manually operated
5 guns in 1975.

6 Q. All right. As you were still a research manager?

7 A. Yes.

8 Q. Okay. And that would include at that time the Model 700,
9 is that correct?

10 A. Yes, I had all the bolt actions from the 540 X which is rim
11 fire target rifle, the 40 XR which is another rim fire target
12 rifle, the 40 Xs which are center fire target rifle, the 788,
13 the 580s, the 700.

14 Q. Did you mention the 600 within that?

15 A. Oh, no I never but I had that also.

16 Q. Okay. And then did you subsequently take a new position
17 within Remington after you were research manager in charge of
18 those bolt action rifles?

19 A. Yes, in 1978, at the first of the year, I became the super-
20 intendent of product engineering and control and I moved from
21 the manufacturing organization to the -- pardon me, from the
22 research organization to the manufacturing organization and
23 there I was manufacturing engineering and direct support of
24 production. In that capacity I had the chemical and metallur-
25 gical control facilities, I had the engineering -- the direct

1 support of production, I had the new products engineering where
2 we tooled the new guns and the new designs, had the quality
3 control area with all its functions.

4 Q. Okay. As I understand it, you've got production where
5 you're out on the floor, you're making the rifles and then
6 you've got this process engineering and control or PE & C to
7 add to Mr. Miller's alphabet soup a little bit, this process
8 engineering and control, it's what provides the engineering
9 support for the production of firearms, is that correct?

10 A. That's right.

11 Q. So you would work on the engineering aspects of how some-
12 thing might be done, how something might be tested, is that
13 correct?

14 A. That's right.

15 Q. Okay. Now how long were you superintendent of process
16 engineering and control?

17 A. From 1978 to 1984.

18 Q. And then in 1984 did you take new employment?

19 A. I was asked, yes, to move, and I moved from Remington to
20 the DuPont organization and I became the technical supervisor
21 or superintendent, pardon me, of the Calrez facility, and this
22 is a facility where high performance elastimers are
23 manufactured.

24 Q. Okay. Now while you were with Remington, was it part of
25 your job --

1 A. We didn't cover my last job.

2 Q. Oh, we've got another one?

3 A. Oh yes. And then a year later --

4 Q. Excuse me.

5 A. Well, that's right.

6 Q. Let's not leave them out, let's hear one more. Is there
7 just one more?

8 A. Yes, a year later I was moved to be the manager of manufac-
9 turing and the technical programs for the engineered parts
10 division. And the engineered parts consists of powdered metal,
11 metal injection molded, vespel and Calrez parts and in each of
12 these parts like I mentioned the Calrez parts, they're all high
13 performance parts that we make for customers. As I was men-
14 tioning, the Calrez parts, we make O-rings, you know, an O-ring
15 about like this that costs you a dime at the hardware store we
16 sell for anywhere from fifteen to twenty dollars. These parts
17 are going to like sumps in jet engines, they go down in deep
18 oil wells and this elastimer will go up to like 600° F. The
19 Vespel parts are the same thing. They're custom high tempera-
20 ture parts that are made for example Vespel goes into jet
21 engines and automobiles and of course powdered metal we make
22 precision parts there which is an outgrowth of the powdered
23 metal parts in our firearms and are used in like valve guides
24 and what have you.

25 Q. Okay. You don't sell what you can buy at the hardware

1 store for 10 cents for 20 dollars do you like you said, that
2 would be a pretty good mark up wouldn't it.

3 A. Oh no, we just make a limited number of pounds per year and
4 this is really expensive material.

5 Q. All right. It sounds like it would be a pretty good deal
6 to get into. All right, let's get you from DuPont back to
7 Remington. When you were with Remington was it part of your
8 job and responsibility to be familiar with the bolt action
9 rifles manufactured by Remington?

10 A. Yes, it was.

11 Q. And was it part of your responsibility to be familiar with
12 the bolt action rifles manufactured by your competitors?

13 A. Yes, it was.

14 Q. While you were Remington did you have an occasion to get
15 out in the field, deal with gunsmiths?

16 A. Yes, from that respect I was very fortunate. In the
17 research organization I got to travel, to go to gun shows,
18 every year all the manufacturers present their products to the
19 buyers and have these big shows where all the manufacturers
20 display all their new products and all their current products
21 and I would go to those almost every year so I could see what
22 was new in the market every year. In both jobs I got to go out
23 and travel with our field force where we would call on dealers,
24 jobbers or wholesalers and customers. I've traveled like a
25 week in Georgia, South Carolina and that area, I've traveled

1 for a week in Southern California and that area.

2 Q. Right out there with the gunsmiths in the field, is that
3 correct?

4 A. That's right. And I've also attended gunsmith conferences,
5 so that I've got a really good idea of what goes on.

6 Q. So what you're telling us -- excuse me, Mr. Linde, I'll try
7 not to interrupt you. What you're telling us then is from your
8 own personal knowledge and experience, you've got that experi-
9 ence in the research area within Remington, including the bolt
10 action rifles and you've got that experience and personal know-
11 ledge in the manufacturing area through the process engineering
12 and control and then you've been right out there in there field
13 with the gunsmiths, is that correct?

14 A. Yes.

15 Q. We've been here for a while, Mr. Linde, and we've heard an
16 awful lot about an awful lot of rifles. We've been talking
17 about center fire rifles, bolt action rifles. Now there are
18 other rifles besides bolt action rifles that you can get that
19 are center fire?

20 A. Very definitely.

21 Q. And what are those?

22 A. In fact probably the biggest selling rifle is your lever
23 action center fire action, the famous Winchester 94, the one
24 that John Wayne uses in all the western movies. And then also
25 there's semi or auto loaders they call them that load them-

1 selves and you just pull the trigger which are becoming more
2 popular as time goes on. Also pump center fire rifles.

3 Q. All right. In your experience why do people buy a bolt
4 action rifles? What is it that they look for?

5 A. I think there's really three major areas you look at on why
6 a person wants a bolt action rifle. I think the first major
7 concern is strength. The bolt action rifle is not only one of
8 the strongest center fire mechanisms made, in terms of strength
9 per weight ratio, there's bolt action .22 rim fires that are
10 scaled down, there's bolt action center fire rifles that go up
11 to the -- take the 458 Winchester magnum.

12 Q. Excuse me, now is that a big cartridge?

13 A. Yes, that's a big cartridge that you would use if you were
14 going to hunt cape buffalo or elephant.

15 MR. McDONALD: Excuse me, Your Honor, but I believe
16 this goes a little bit beyond the area in which the Court has
17 ruled that this witness can testify and I would object to the
18 entire line.

19 MR. SHAW: Your Honor --

20 THE COURT: Overruled, proceed.

21 BY MR. SHAW:

22 Q. All right, excuse me, you were telling us about the big
23 cartridges and this is an aspect of the strength of bolt action
24 rifles, is that correct?

25 A. Yes. If you were -- you'll find it like on a Winchester

1 94, the lever action, you won't find that with anything much
2 more powerful than a 30-30 or a 35. The action just won't take
3 it. On an auto loading rifle, what you'll find there is they
4 can take some magnum cartridges but very few because to get the
5 strength into the action the rifle just has to weigh too much.
6 So this is one advantage that bolt actions have and this is one
7 reason that customers want them and prefer that action type.
8 Another reason would be really it's dependable. If you have an
9 auto loader you're relying on that cartridge, the energy from
10 that cartridge when it's fired, to function your action for you
11 and to do that, to take those actions away from that you're
12 doing, there have to be many more parts in an auto loader. So
13 consequently an auto loader tends to be less dependable. If
14 you take a look at the bolt action, the bolt action is probably
15 the simplest mechanism that you can get to actually fire a
16 cartridge. And because of its simplicity, that adds to its
17 dependability and I think when you look at it, it's really
18 evidenced by what you're heard here about all the military
19 rifles that have been made in bolt action rifles. They were
20 made in bolt action rifles because of the dependability. But I
21 think really from the Second World War or even from the First
22 World War, what's really driven the bolt action rifles though
23 is the accuracy. If you talk to any rifle shooter, that's one
24 of their key performance measures. I mean if you talk about a
25 car, somebody will say here's how fast it will go or here's its

1 acceleration or how well does it steer. When you're talking
2 about a bolt action rifle, what people are really talking about
3 is how accurate is it. And if you take a look at what's
4 happened to bolt action rifles since the Second World War, you
5 can see there's been a tremendous improvement in how the
6 barrels are made, how the stocks are put on the barrels, on the
7 trigger mechanisms, on the scopes that even go on them. For
8 example, our own experience on the barrels, we used to use cut
9 rifling. We went from cut rifling to button rifling. We went
10 from button rifling to what we now call the GFM machine. It's
11 a big machine that we bought in Austria that hammers that
12 barrel around the carbide mandrel to get a perfect bore and it
13 run a perfect bore barrel after barrel. if you go to the
14 scopes which is something we don't have anything to do with but
15 when you think about it, the scopes that were made like 20 to
16 30 years ago, like a target scope would be that long, and have
17 a little tiny eye piece that you looked through. The scopes
18 that you can buy now, you can buy a 20 power or a 10 power
19 scope that's short, very crystal clear and better yet, when you
20 shoot it, the cross ear won't flux or change. I think an area
21 where there's been a lot of changes over the military rifles to
22 get this accuracy potential is in trigger mechanism. If you
23 take a look at the trigger mechanisms that exist today there
24 really have been a number of changes and of the course the real
25 reason there is the trigger mechanism is really a link between

1 shooter and the rifle and I'd say next after -- you know, how
2 well does the rifle shoot -- if you get a bunch of shooters
3 together what they'll do is invariably pull the trigger and see
4 how is that trigger pull because that's a real criteria on the
5 quality and performance of a rifle. Now if you take a look at
6 trigger pulls and I like to draw analogies to help explain but
7 what you really want is if you're a rifle man you want a
8 trigger pull that when you pull it it would break like breaking
9 an icicle. The force goes up and up, nothing happens and then
10 all of a sudden it just breaks with very little motion, and
11 I'll describe that as what I'd call an ideal trigger pull. And
12 to achieve that you need a mechanism and you need to work on
13 that mechanism, you know, to drive your design in that direc-
14 tion, and if you take a look at the recently designed center
15 fire rifles and the ones that have been designed since the
16 second World War, you'll see that there's really been a
17 tremendous drive in that direction to improve the trigger
18 pulls, to maintain a position of leadership in that area where
19 the customer can really, you know, tell a perceivable
20 difference.

21 Q. Now the --

22 MR. MILLER: Your Honor, could we approach the ben for
23 just a minute?

24 THE COURT: You may.

25 BENCH CONFERENCE, ON THE RECORD

MR. MILLER: Your Honor, I let him go on for a little

1 ways with this definitely opinion testimony as to what people
2 prefer in the form of the trigger pull, short and crisp trigger
3 pulls, as to what improvements have been made on trigger pulls
4 and style, manufacture and design since the second World War and
5 it hasn't gotten in an area that has bothered me yet but if
6 this is an indication of how the testimony is going to proceed
7 and obviously what he's been doing is rendering opinions for
8 the last few minutes and I'm afraid we're going to be fighting
9 this issue all the way.

10 THE COURT: Well, haven't heard any objection. I
11 can't do anything about it unless somebody objects.

12 MR. MILLER: That's what I'm doing at this point now.
13 I'm objecting to testimony of opinion.

14 MR. SHAW: Your Honor, I would submit all he's doing
15 -- we've laid the foundation, he's been experienced in this
16 area, he's just talking about what his experience was as he was
17 working in Remington and what it is that is drawn from that
18 experience which I believe is not expert opinion. He's just
19 talking about his own perceptions and knowledge.

20 THE COURT: That's his opinion, isn't it?

21 MR. SHAW: That's his observation, Your Honor, based
22 upon his perceptions and I believe that doesn't necessarily
23 fall within the expert opinion rule, that a witness who is not
24 submitted as an expert can testify as to things that are his
25 own perceptions based on his experience without having to

1 qualify him as an expert.

2 THE COURT: Well, it's a ticklish question. I never
3 have understood that exception you're talking about in lay wit-
4 ness's testimony but what has this got to do with this law-
5 suit?

6 MR. SHAW: We're just --

7 THE COURT: It sounds like you're qualifying him as an
8 expert. That's the only purpose of asking him these ques-
9 tions.

10 MR. SHAW: That's not our position, Your Honor. We're
11 just explaining things for the jury.

12 THE COURT: What are you explaining?

13 MR. SHAW: His experience with triggers and fire
14 control systems and how they work, demonstrating this witness's
15 knowledge. They made this witness an issue in this case, Your
16 Honor. They have brought his name up time and time again with
17 regard to functions that he performed and I think it's
18 important for the jury to see this individual's understanding
19 of the systems that he was later assigned to evaluate, again a
20 factual question in 1975. They're the ones who have
21 continually brought up Mr. Linde.

22 MR. MILLER: I don't have any problem with him testi-
23 fying as to what he saw. If they go on that it will be fine
24 with me.

25 THE COURT: The evaluation, that's the problem, the

1 evaluation. Stay away from that.

2 MR. SHAW: All right, we'll move on, Your Honor.

3 AFTER BENCH CONFERENCE

4 BY MR. SHAW:

5 Q. Mr. Linde, you're familiar with the trigger or fire control
6 system on the Model 700, are you not?

7 A. Yes, I am.

8 Q. And you've been familiar with it as part of your efforts in
9 the research department, is that correct?

10 A. Yes.

11 Q. And you further became familiar with it as part of your
12 efforts and work in process engineering and control, is that
13 correct?

14 A. Yes, I did.

15 Q. All right. If you could, I'd like you to step down please.
16 There are some things that I would like you to explain to the
17 jury very briefly with regard to this fire control system.

18 A. Okay.

19 THE COURT: Mr. Shaw, is this any different than the
20 many explanations that we've had?

21 MR. SHAW: Yes Your Honor, we believe it to be differ-
22 ent in a material regard. We will acknowledge that there have
23 been a number of explanations or attempted explanations with
24 regard to this fire control but there are certain features of
25 this fire control, of the operation, including of this connec-

1 tor, which we do not believe have been explained and further to
2 illustrate something to the jury we have a cutaway model that
3 Mr. Linde would like to show to the jury which has the firing
4 pin visible and we think that that may have been a problem
5 because we continually talked about this rifle and yet we don't
6 have this firing pin up there and I promise Your Honor that we
7 will not be very long with this.

8 MR. McDONALD: Your Honor, if this is a model, it is
9 one that we've never seen as of this date.

10 MR. SHAW: It's not a model, Mr. McDonald.

11 MR. McDONALD: We're two and a half weeks into trial
12 and we have never seen that model.

13 MR. SHAW: Well, it's not a model. It's just a Model
14 700 bolt action rifle where we have cut away so that you can
15 see the fire control -- this makes me nervous to do this at
16 all. Where you can see the fire control and you can see the
17 firing pin --

18 THE COURT: Have you ever shown it before this trial
19 as ordered by this Court to show all exhibits, did you ever
20 show it to these lawyers?

21 MR. SHAW: We have listed it as an exhibit.

22 THE COURT: Have you ever shown it to them as a physi-
23 cal exhibit? Have you ever shown it to them and given them a
24 chance to examine it?

25 MR. SHAW: They can have an opportunity now, Your

1 Honor.

2 MR. HEADLEY; They didn't show us theirs either and
3 neither side made that demand, Your Honor.

4 MR. McDONALD: That's not so.

5 THE COURT: Well, they have the right to examine it.
6 Do you want a while to examine it?

7 MR. SHAW: We think it's very helpful, Your Honor. If
8 they would like to use it, they're welcome to use it.

9 (Pause in proceedings, after which:)

10 BY MR. SHAW:

11 Q. Mr. Linde, would you like to use this? We will mark this
12 as Exhibit 39A. Mr. Linde, as you're explaining, you might
13 want to tell the jury -- Mr. Miller has asked about this --
14 there is plexiglas I believe in here which is screwed in and
15 you might want to point out to the jury that you normally don't
16 have that plexiglas covering and those screws in there are not
17 part of the rifle.

18 A. Yes. I'd like to go through just briefly the operation and
19 the reason I'd like to go through it briefly is that if you
20 just look at this model --

21 MR. HEADLEY: You'd better speak up Mr. Linde.

22 A. Okay. When you just look at this model right here, it's
23 kind of hard to figure out exactly how that model fits into the
24 rifle, particularly how does this model here relate to the
25 firing pin.

1 MR. HEADLEY: Make sure the jury there can see it.
2 A. I will, I haven't started yet. So what I'd like to do here
3 is just show you on this cut away, and as I said, this plexi-
4 glas, you really can't see into the trigger assembly on the
5 real rifle and that's been put in there so that you can see.
6 But obviously here's where the cartridge goes. You close the
7 bolt on the cartridge and here you can see the firing pin and
8 that's the firing pin spring. Now that spring has about, oh,
9 24 to 27 lbs. on it urging that firing pin forward. So to
10 release 24 to 27 lbs. would be kind of difficult. You need
11 some kind of mechanism to release it to get the pounds down
12 like three to five lbs. so that you can release this mechanism
13 and that's what the trigger assembly is doing. If you take a
14 look at it, the firing pin comes through the front of the bolt
15 so you pull the trigger, the firing pin goes forward. Now when
16 I open the bolt you can see the firing pin comes rearward.
17 Open it and you can see the magazine, see another cartridge,
18 close it and you can see the firing pin picking it up. Now the
19 firing pin, right here, this is a projection on the bottom of
20 the firing pin and you see the angle, the angle on that projec-
21 tion on the front? It angles back like this. That's not the
22 sear, that's right, it's got an angle on it but it's got an
23 angle like the sear. Okay, it's angled back and that angle is
24 the one that comes to this surface right here and that's the
25 sear and the firing pin is bearing right there. So that sur-

1 face is what's stopping the firing pin from going forward. So
2 I come forward, take a look right there. See that shiney sur-
3 face, that surface right there? That surface right there on
4 the back is this surface right here and you can see the cross
5 pin and that's a sear and when I pull the trigger, if I have
6 pressure down on it, the sear falls down. So when I pull the
7 trigger that sear falls away allowing the firing pin to go
8 forward. Okay. I'll go through it. You see that firing pin
9 here, pull the trigger, see the firing pin come forward, come
10 back and it catches on that sear. Now here's the safety. The
11 safety is located right along the side of the receiver. I pull
12 the safety and that safety and that safety comes in and this
13 cam which you've had people I imagine explain to you, comes
14 underneath the sear and when it comes underneath the sear it
15 lifts the sear so it's blocking the sear but it's also lifting
16 the sear. Now when it lifts the sear, this is kind of diffi-
17 cult to see but I can show you. If you'll look right there at
18 the firing pin head, see, that's the end of the firing pin and
19 when I put the safety on see the firing pin come back ever so
20 slightly? That's raising the firing pin back. So that safety
21 is not only coming in and wedging underneath the sear, but it
22 wedges the firing pin back. So this safety mechanism in this
23 rifle blocks two of the key elements or two of the three ele-
24 ments in the firing chain, it blocks the firing pin and it
25 blocks the sear. Now when I put the safety on you can see the

1 firing pin come back. Back here, go forward, back, forward.

2 MR. HEADLEY: Show that to the Court. If the Court
3 wants to have him show that to you too, Your Honor, we didn't
4 mean to exclude you.

5 THE COURT: I don't understand it at all but I don't
6 want to see it, go ahead.

7 BY MR. SHAW:

8 Q. Mr. Linde, I hate to get you back down here but now that
9 the jury has had the benefit of this cutaway model, I'd like
10 you to explain a few things with this larger model and how the
11 fire control works and one thing that I'd like you in particu-
12 lar to explain is --

13 THE COURT: Is this going to be contrary to what's
14 been explained by all the other witnesses? We've had three or
15 four witnesses explain that model. Now is this something con-
16 trary?

17 MR. SHAW: I believe, Your Honor, we would like a
18 clear explanation of the connector.

19 THE COURT: Well, I don't want any more explanations
20 if it doesn't change the explanations we've already had.

21 MR. SHAW: I don't believe, Your Honor, that there's
22 been an explanation of the connector to which there's been much
23 reference made as to what the purpose of this connector is in
24 this fire control, how it works.

25 MR. MILLER: Your Honor, if that's the purpose, I'd

1 make the same objection we made back in chambers to this tes-
2 timony.

3 THE COURT: All right. We'll have a 15 minute recess
4 at this time.

5 COURT IN RECESS AT 3:03 P.M.

6 CONFERENCE IN CHAMBERS

7 MR. McDONALD: Again I would object to the opinion
8 testimony which apparently was going to be the purpose of the
9 demonstration as to the purpose of the trigger connector. I'm
10 afraid that's what is going to happen with this witness as I
11 mentioned at the bench conference, that all he's going to talk
12 about are opinions. I agree that there are certain factual
13 issues that he can talk about such as size of parts, what he
14 observed in the manufacturing process at Remington, what he
15 heard, saw, felt, whatever you want to talk about. Gallery
16 tests, he can probably talk about what those test results were
17 but opinions, no, and I think that's what we're going to be
18 facing throughout this and it's going to cause repeated objec-
19 tions from the floor and we're going to be fighting this issue
20 a long time on that basis I think. So I would object to his
21 testimony on the basis of opinion testimony as we stated in the
22 earlier comments.

23 THE COURT: Well, this connector has been explained by
24 everybody.

25 MR. SHAW: But Your Honor, only the plaintiffs have

1 explained it and that's not to say that we agree with all of
2 their explanations and you're right, there's been reference
3 after reference to connector, connector, and for Mr. Linde who
4 has experience with these rifles and their design and manufac-
5 ture to not be able to say how something works, just the pro-
6 cess of the rifle with which he is familiar, how it works with-
7 out tha being called an expert opinion, for him not to be able
8 to say what he has observed, that's been the entire issue in
9 this case, what Remington knows or does not know and for the
10 plaintiffs to say that we cannot bring in the person who was
11 personally involved in much of this in 1975 through 1978, that
12 they went into time and time again as to what Remington knew
13 and didn't know, what they did or did not do, just because he
14 will have to use technical terminology or deal with this fire
15 control and they claim that that's an expert opinion, I believe
16 that's incorrect.

17 MR. MILLER: Your Honor, one other comment. They've
18 got two perfectly good witnesses here. We've got no objection
19 to what they testify about because they have been identified as
20 experts, Mr. Hutton is an in-house employee and --

21 THE COURT: Well you haven't made it plain to me what
22 you think this question is at all. My objection to this testi-
23 mony is that this connector has been explained so many times.
24 What opinion is he going to give about -- how does this call
25 for an opinion?

1 MR. MILLER: The statement made by Mr. Shaw was would
2 you please explain the purpose of the trigger connector which
3 necessarily involves opinion as to what the purpose is. It's
4 not talking about how big it is or how small it is or how it
5 works, it's the purpose behind the trigger connector. And like
6 I said, they've got other people to explain that. I'm afraid
7 we're going to have four or five witnesses, however many
8 they've got, go through all these questions --

9 THE COURT: No they're not cause I won't let them.
10 We've gone through it too many times already. You all went
11 through it too many times. We've gone through it so many times
12 it's pitiful. Nobody can point a finger at another person
13 saying they're being repetitive in this case. It's terrible
14 but don't use the word "purpose". You want to know how it
15 functions, is that what you're asking?

16 MR. SHAW: Yes.

17 THE COURT: Well, ask him how it functions, what it
18 does and how it functions.

19 MR. SHAW: All right.

20 THE COURT: Now that's not an opinion, that's a state-
21 ment of fact. I'm going to object if you start asking why it's
22 there or the purpose of it or how it's an improvement over the
23 older models or anything like that, that goes into opinions.
24 Let's just keep it plain and factual. I am disturbed about you
25 bringing in a new exhibit that nobody has ever seen before.

1 MR. HEADLEY: Your Honor, we never saw their bolts
2 before they had them in the courtroom and had Mr. Olson on the
3 stand. We hadn't seen their physical exhibits. They hadn't
4 seen ours. Neither side asked so --

5 THE COURT: The rules doesn't say you have to ask.
6 The rule says that they shall meet and confer and --

7 MR. HEADLEY; Oh well, that's true. I guess what I'm
8 saying is that wasn't done by either side and they've been
9 using exhibits in the same way in their part of the case.

10 THE COURT: They have brought in a lot of exhibits
11 that they've never shown to you before, I realize that.

12 MR. HEADLEY: All right.

13 THE COURT: I think you're condoning all that now.
14 You've had divorce cases I guess in years gone by, haven't
15 you, you're condoning the sin by --

16 MR. HEADLEY: Guilt by association. Misery loves com-
17 pany.

18 MR. McDONALD: Sauce for the goose is sauce for the
19 gander, whatever.

20 THE COURT: Is there anything else you're going to
21 cover this afternoon that we've got to talk about?

22 MR. McDONALD: No sir.

23 THE COURT: I guess this witness will be on the rest
24 of the day and part of tomorrow.

25 MR. SHAW: We anticipate that.

1 THE COURT: I never did get from his testimony -- I
2 presume because he's at Wilmington he's still with Remington?

3 MR. SHAW: He's with DuPont now, Your Honor, in fabri-
4 cation.

5 MR. MILLER: In fact, did he say he interviewed with
6 DuPont originally and had a choice and he chose to work at
7 Remington? I didn't understand that part of the testimony.

8 MR. SHAW: I didn't either.

9 MR. HEADLEY: Yes, he's from Wilmington.

10 MR. McDONALD: I take it that he's going to limit his
11 testimony to the facts he at least saw while he was there, not
12 facts which he believes he thinks he knows about today that go
13 on at Remington, is that correct? I will be firsthand fact,
14 correct?

15 MR. SHAW: That's correct.

16 THE COURT: I've got a coke coming and I'll take a few
17 minutes to drink my coke.

18 CONFERENCE IN RECESS

19 COURT IN SESSION AT 3:28

20 THE COURT: You may proceed.

21 CONTINUATION OF DIRECT EXAMINATION

22 BY MR. SHAW:

23 Q. Mr. Linde, when we left off you were going to explain to
24 the jury and the Court what the function of the connector is.

25 A. Okay. The most obvious function of the connector is that

1 it's a hard steel piece and this hardened steel piece at its
2 top surface as you can see, it's exposed and it's very easy to
3 come in and grind it. So it's ground and then it's polished
4 with crocus cloth to get the surface finish. That surface
5 finish is what's important to give the rifle a very good crisp
6 trigger pull when the trigger is pulled. Now secondary -- not
7 secondary but another critical function of the connector is
8 that it minimizes the trigger travel. Now let me demonstrate
9 that. First I want to say this is a 10X model, that's ten
10 times the size of the normal trigger assembly but the springs
11 are not ten times as powerful because if the springs were ten
12 times as powerful this spring would have like 50 to 60 lbs.
13 pushing that trigger back and it would take me 30 to 50 lbs. to
14 pull the trigger. So in the model you can't make everything
15 10X or I couldn't show you how it works. Getting back to the
16 connector, the connector is this shape right here. To cause
17 the rifle to fire, the firing pin, as we were talking about,
18 that edge is pushing down here, it's pushing both forward into
19 the pivot and down onto there, onto the sear. The sear on the
20 connector.

21 Q. Can I help at all? Do you need any help? Have you got
22 it?

23 A. This amount right there, that engagement right there is
24 what we call engagement. I don't know if that's been explained
25 to you but that is the sear engagement and on this model it's

1 set 15 to 20 thousandths of an inch. The rifle is ready to
2 fire. I pull the trigger. That moment it goes. Now what I'm
3 gong to do is I'm going to hold the trigger or try to hold the
4 trigger right where it's at. As the firing pin proceeds for-
5 ward, you see what it's doing to that connector? It's pushing
6 the connector foward. Now what that's done is two things. The
7 motion, the forward motion or the motion of this sear coming
8 down is not imparted to the trigger. The trigger stop screw
9 could be adjusted right to that point, okay, and that gives it
10 a very short trigger pull. Now let me go through it again.
11 You take this like 20 thousandths engagement, 15 to 20 thou-
12 sandths engagement, I pull the trigger and the distance from
13 here to here is about the same as the distance from here to the
14 trigger. So I pull that trigger 15 to 20 thousandths, I go a
15 little past and -- I've got to screw it up a little bit --
16 right there. Screw it in just al ittle bit. Go just a little
17 past, pick up on the connector and it pushes the connector for-
18 ward as the firing pin comes forward. So the total motion to
19 fire this rifle at the trigger is 15 to 20 thousandths plus
20 like 5 thousandths clearance. So from 20 to 25 thousandths
21 motion this trigger can pull and release that firing pin. If
22 the connector was not there then you can see that the distance
23 that that connector is forward is about 15 to 20 thousandths.
24 See the motion right there? That is about 15 to 20 thou-
25 sandths, so without the connector motion, the trigger motion

1 to fire the rifle would be about double. So what this connec-
2 tor does is it almost cuts in half the motion on the Model 700
3 rifle to fire it. Now if you take a look at how the connector
4 is designed, when I do that, when I come forward and kick it
5 you can see how the connector pivots, this bottom leg right
6 there, pivots about the bottom.

7 Q. I think you've mentioned this, Mr. Linde. You have this
8 lower leg on the bottom of the connector which was not origin-
9 ally on the 721 722s, is that correct?

10 A. That's right.

11 Q. And now what is the function of that lower leg again?

12 A. Well, I was showing how it pivots. You can see where it
13 pivots, it pivots right here. And what it does is it gives the
14 connector a common pivot point. On the 721 722 where the
15 connector would come down here and just end, what would happen,
16 this connector I should say first is heat treated. It has to
17 be heat treated to get very very hard because steel when it's
18 heat treated will tend to warp a little bit, you could get
19 connectors that would have a little bow in them and so if you
20 get that the pivot point would change. It could change from
21 connector to connector and to get a consistency, to get it to
22 pivot the same place every time, you add the little hook on the
23 bottom and also it aids in the assembly.

24 Q. All right. And the function of this lower leg is not to
25 prevent the connector from rising on the face of the sear, is

1 that correct?

2 A. No, no it's not. It will but that's not its primary
3 function.

4 Q. Now what is the function of this spring here, Mr. Linde?

5 A. Okay. That's the trigger return spring and as I said,
6 that's the spring that returns the trigger back to the initial
7 position. If this, you know, was true to life that would be
8 probably be about 50 to 65 lbs. on that spring returning this
9 trigger. In the operation of the gun, the trigger spring up
10 here puts on about oh, 24 to 27 lbs. and this spring here --

11 Q. You mean the firing pin spring?

12 A. The -- pardon me?

13 Q. Would it be easier with this cutaway to show or not?

14 A. Well, this shows, you know, the relative difference. You
15 can see how big the firing pin spring is as compared to the
16 little trigger return spring. The trigger return spring is
17 right down here. Now if in the gun where you've got 24 lbs.
18 or 27 lbs. pushing here, you come up to here, it comes over and
19 starting down and you've got this force pushing here, and push-
20 ing it down. If this spring here was pushing back in the rifle
21 at 24 lbs. it wouldn't let the rifle release. So this spring
22 here has to be less than the main firing pin spring. I don't
23 know if I'm coming across but it has to be lighter than the
24 firing pin spring to allow the rifle to fire.

25 Q. Mr. Linde, would you say that this trigger spring is rela-

1 tively light in comparison to the firing pin spring?

2 A. Yes, it is.

3 Q. All right. I don't mean to hide you up here. Let me show
4 you what's been marked as G-84-7 which is the -- let me show it
5 to Mr. Linde first and then maybe we'll put it on the easel
6 perhaps. Now this is the drawing for that trigger spring from
7 Remington, is that correct?

8 A. Yes it is. It's this spring right here.

9 Q. Okay. And what is the rate of that spring, Mr. Linde?

10 A. Its rate is right here, 225 lbs. per inch.

11 Q. Okay. And what material is this spring made of?

12 A. This spring is music wire right here, music wire and its
13 stress relieved after it's wound.

14 Q. And is it subjected to something such as heat treating?

15 A. Well the stress relieve is what a torsional spring is --
16 that's the heat treatment it gets. When you wrap music wire,
17 and music wire is the most common torsional spring material for
18 high quality springs, and when you wrap it to give it just a
19 slight stress relief, that's what this operation is so that the
20 spring will maintain its shape.

21 Q. And so it's a strong spring then would you say?

22 A. Yes it is, a very high rate and at this rate if you put it
23 between your fingers, you'd have a hard time compressing it.

24 Q. Now can you tell from this drawing here what the specifica-
25 tion is for the length of that spring?

1 A. Yes. If you take right here, here's what it's inspected to
2 and it's to work freely in a .117 diameter hole and that would
3 be the hole that it works in would be something greater than
4 that. So if you compared this, that spring will always work
5 freely in the hole. To work freely on a .60 diameter pin, and
6 if you'll notice there's a projection on that screw and so
7 that's so it will go over that projection. And here it gives a
8 load and a length and that's the inspection. You compress the
9 spring to that height and then you check to make sure it
10 measures that length. The solid height is 150 thousandths, the
11 free length is 200 thousandths min, so the spring should not be
12 any shorter than 200 thousandths.

13 Q. Mr. Linde, let me interrupt you. A spring that was .200 as
14 reflected on Exhibit G-84-7 that spring drawing, a spring that
15 was .200 would be within Remington specifications, correct?

16 A. Yes, it would.

17 Q. Is there anything else you would like to tell us about that
18 spring?

19 A. No.

20 Q. Now you weren't here for this, Mr. Linde, but Mr. Butters
21 who was an expert for the plaintiffs had stated that the
22 specification for this spring was .203. Is that correct?

23 A. No. If you look in this you see it says inspection, manu-
24 facture, design data, and when you have springs wound the
25 spring manufacturer, he has a certain leeway in the manufacture

1 to get to the inspection, just with the nature of springs. And
2 here's what he's trying to achieve. He's going to try to
3 achieve this load of 5 to 6.7 at that length. He's not going
4 to let it get any smaller than 200 thousandths min. He's going
5 to wind this spring left-handed. And then when he sets up his
6 manufacture this is the characteristics that he's going to set
7 up to. He's going to set up with this wire diameter, outside
8 diameter, free length, coils and those two ends will be ground.
9 It's just a standard procedure in spring design- manufacture.

10 Q. All right. Excuse me, I believe and I probably misquoted
11 him which I wouldn't want to do -- Mr. Butters I think said
12 that .200 length was out of specification. Now with that ques-
13 tion in mind from the drawing before you can you tell me if a
14 spring that is .200 would be out of specification?

15 A. No, it is not. It says free length .200 min.

16 Q. Okay. Now the model 700 fire control parts are made to
17 very close tolerances, is that correct, Mr. Linde?

18 A. That's right.

19 Q. And how does Remington make these parts to very close
20 tolerances, let's take for example the trigger.

21 A. The trigger on the Model 700 is made in what we call our
22 powdered metal process. That is the outside form right here of
23 that trigger, it's a form that is put in a carbide die, so if
24 you can picture that form if you had a round piece of carbide
25 with that form in it that sits in a press like a 50 ton press

1 and you have a punch both at the top and the bottom acting on
2 it. What happens is you set it up, you have a certain alloy
3 material which is a powder. You put the powder in to that
4 cavity, the punches come down and form the part. Now it's a
5 little more complicated than that. You have to work everything
6 out but that form that you see here is in that carbide die.
7 Once you get everything set up you can be sure of consistency
8 from part to part because they're all coming out of the same
9 die with the same punches. Now of course the powder then is
10 taken and run through a high temperature furnace and centered
11 and then we go back into another set of dies and we strike it
12 again to get our final thickness.

13 Q. And through those processes then you maintain those toler-
14 ances, is that correct?

15 A. Yes.

16 Q. And then with regard to the connector, how are those toler-
17 ances kept?

18 A. Okay. The connector, this connector is a special material
19 that we buy and that is steel that is pulled through a series
20 of dies, through a series of carbide dies and it keeps its form
21 until it forms down to this cross sectional size. That
22 material comes to our factory and we inspect it to make sure it
23 meets the chemical and metallurgical requirements and then that
24 material is forwarded to one of our vendors who takes die sets
25 and forms that. He shears it off into pieces and forms it up

1 into this section. So the carbide dies that this material is
2 pulled through determines the thickness, the width and the
3 height, so that part is pretty much determined by those dies
4 and once you get that material running right, you know you have
5 it.

6 Q. And it's through those manufacturing processes that you
7 keep the tolerances on the connector, is that right?

8 A. that's right.

9 Q. One final question. We've got this housing here and how is
10 this housing made to keep those tolerances?

11 A. The housing is two stampings. If you take a look at each
12 side, they're identical except for a few holes. The vendor who
13 makes that housing, he uses the same die set for both sides.
14 He comes in and he stamps the housing -- not the housing, the
15 side plate, and you get all the holes in the correct position
16 on that one side plate, you get it set up and then you start
17 running it, you can be assured that each side is going to be
18 the same and that everything is going to be in specification.
19 Now to get the clearance between the two, what we do is we have
20 at the front and the back -- here let me -- there's a spacer
21 here and a spacer here and these are powdered metal spacers
22 made the same way as I just described previously. We take
23 these spacers and we make them a little oversized and then we
24 put them on a surface grinder, big flat grinder, we come down
25 and we grind them to the close tolerances. So you're just tak-

1 ing it and you're putting a lot of these spacers on it, on a
2 plate, you come in and you surface grind it down to the toler-
3 ance you need. You take one spacer, one side plate, excuse me,
4 place it in a fixture, you put the front spacer on, the back
5 spacer on, then you put the top other side plate on, you put
6 rivets through it and you press it together. So this width
7 here is determined by the ground spacers, the rivets and how
8 much you compress it.

9 Q. Now from what you've told us, for instance, you have these
10 holes here in these side plates represented by this clear
11 plexiglass and those holes are drilled through both side plates
12 at the same time, is that correct?

13 A. They're either formed or they're drilled.

14 Q. So that the hole correspond to one another precisely, is
15 that correct?

16 A. That's correct.

17 Q. Now you have some clearances in here between the trigger
18 and the sear and the side plates. Now, there's been some dis-
19 cussion with regard to particles that can fall down between
20 here. We've pulled out human hairs, we've swept dust off the
21 table and everything but can you explain to the jury and the
22 Court how this sear and the trigger and connector function with
23 regard to the housing plates as far as these particles are con-
24 cerned?

25 A. Okay. If you take a look at the top of the sear and you

1 start here, the sear -- the clearance between the sear and the
2 housing it would be 1 to 7/1000 of an inch. The clearance
3 between the sear and the housing. Now if it was all the way to
4 one side or all the way to the other side, if it sat right in
5 the middle of course it could have a half a thousandths to
6 three and a half thousandths. So if you were going to get a
7 particle down there in this mechanism, you'd have to get a
8 particle that's under 3/1000 or in this case if it was in the
9 worst condition you would have to get a particle under 7/1000.
10 Now a 7/1000 particle is a pretty small particle. If you get
11 particles down there and they're 7/1000, that particle size,
12 you think about it, with the spring having a load of 5 to 6-1/2
13 lbs. on it, a little tiny particle of 7/1000, that trigger is
14 going to return in that area that it continually wipes because
15 it's wiped it back and forth and you could say it's wiping kind
16 of like a windshield wiper on your car, it only goes a set
17 stop to stop and it continues to sweep that, so if you have
18 anything bigger it's going to push it forward or it's going to
19 push it backward. The clearance between the trigger and the
20 housing at the bottom would be a little tighter.

21 Q. You're speaking in examples here, in general terms.

22 A. No, I'm saying what the actual is. The clearance would be
23 1 to five thousandths on the trigger and so in that case you
24 would have to have a particle under 5/1000s that that trigger
25 would have to overcome.

1 Q. And you're saying that you've got this return force that's
2 caused by this spring here and that spring force is enough to
3 overcome a particle of say 5/1000, is that correct?

4 A. Yes. If you look at it -- well, here's the way I'd look at
5 it. If the housing was wider and you had more clearance, then
6 you could have a bigger particle. If you carried that out and
7 said well, gee, if the housing was twice this wide, then you
8 could have a big particle and it definitely couldn't bind it
9 up.

10 Q. Likewise you've got spring force here which you demon-
11 strated --

12 A. That's right.

13 Q. -- on the sear and if a particle somehow of 5/1000 the size
14 of a human hair or maybe a couple of Mr. McDonald's hairs got
15 down here in between the sear and the side plates, this spring
16 force would sweep that hair or human hairs out of the way, is
17 that correct?

18 A. Yeah, on the top of it would be 7/1000.

19 Q. Okay. Three hairs. We're moving towards baldness then.
20 Now you're familiar with other bolt action rifles I believe you
21 told this jury by virtue of your experience with Remington and
22 you've examined a number of other bolt action rifles, is that
23 correct?

24 A. Yes, I have.

25 Q. Now in these other bolt action rifles that you have

1 observed have you noticed that many of them have enclosed
2 housings like this?

3 A. Yes, if you'll in fact look at the examples on the table,
4 you'll see that the older military actions will have the things
5 open and the newer commercial actions will have housings around
6 the trigger sear and the parts that return them to their
7 battery position.

8 Q. Well, I don't have a military one yet here, but like let's
9 look at B-7. This is a Savage model 110. Now is that an
10 enclosed housing?

11 A. Yes, it is. This right here would be a structure and they
12 formed it a different way but it's got a structure and essen-
13 tially got the same basic parts. It's going to have to have a
14 trigger, it's going to have to have a sear and it's going to
15 have to have a firing pin and it's got to have a safety lead.

16 Q. All right. Here's an Interarms Mark 10. I need some hol-
17 sters here or something I think, Interarms Mark 10, whcih is
18 Exhibit B-8. Now does that have an enclosed housing?

19 A. This is the one you just gave me here. If you'll look at
20 this enclosed housing this is the recent commercial bolt
21 action.

22 Q. Okay., And this Interarms Mark 10, that's a commercial
23 bolt action rifle?

24 A. Yes, it is.

25 Q. And does that have an enclosed housing?

1 A. Yes, in fact you can see it's quite similar to the
2 Remington in the way it's adjusted even.

3 Q. All right. Let me show you what's been marked as B-10
4 which is the Weatherby Vanguard, does that have an enclosed
5 housing?

6 A. Yes, it appears to be made out of aluminum but again it has
7 the enclosed housing.

8 Q. let's look at one more here. I'm going to have to pick
9 them all up. We've got what's marked as B-5 which is a Seiko.
10 Now does that appear to have an enclosed housing?

11 A. Very definitely.

12 Q. All right. So an enclosed housing then from your observa-
13 tion and experience is not something that is rare with a bolt
14 action rifle, is that correct?

15 A. It would be the opposite. It would be rare not to have an
16 enclosed housing. I think the Winchester 70 would be the only
17 leading bolt action rifle without an enclosed housing.

18 Q. Now you have adjustment screws on the model 700. Now from
19 your observation with other bolt action rifles, is that fairly
20 common to see adjustment screws on other bolt action rifles?

21 A. If you had looked at those you just handed me, every one of
22 them had adjustment screws.

23 Q. Okay. I don't want to bring them back up again but I think
24 what you're saying is it's common on bolt action rifles to see
25 adjustment screws, is that correct?

1 A. Yes.

2 Q. Now the model 700, and I don't want to get you down here
3 again necessarily or get the cut away, but the model 700,
4 you've told us that it has a safety which blocks the sear and
5 also cams up that sear into the firing pin, is that correct?

6 A. That's right. The 700 safety, the cam comes under the sear
7 as you just demonstrated, it lifts the sear up and when it
8 lifts the sear up that angle also forces the firing pin back.

9 Q. All right. Now what is the function of that kind of safety
10 we have here where we're not only blocking the sear but we're
11 also camming up this sear into the firing pin?

12 A. The function is that you have a tremendous amount of inter-
13 ference between the firing pin right here and the sear and
14 you're holding the firing pin back so if your rifle should fall
15 or you should trip and fall with it and you hit the back of the
16 firing pin on something, the rifle will not discharge because
17 you've got a real good lug holding the firing pin to the rear.
18 Also, by having the safety mechanism disconnected from the
19 trigger, if the trigger should take a severe blow, there's no
20 way that any kind of deformation or blow to the trigger is
21 going to discharge the rifle.

22 Q. Because the trigger then, as you're explaining in the func-
23 tion of this safety, because the trigger is not part of this
24 safety, not a trigger block safety, then you don't have to
25 worry if the trigger is hit causing the gun to discharge, is

1 that correct?

2 A. that's right.

3 Q. And because you have this sear block which also jams up the
4 firing pin, jams up into the firing pin, when you drop that
5 gun, you don't have to worry about that gun going off and the
6 jar off situation, is that the function?

7 A. Yes. I could show an example of a trigger block if you
8 want me to draw a sketch of the difference between a trigger
9 block and a sear block safety mechanism would be.

10 Q. That would be helpful to the jury I think. We've got an
11 easel here or we could use --

12 (Witness drawing diagram, after which:)

13 Q. Now you were going to explain the function of a trigger
14 block safety?

15 A. Yeah. I'll just simplify it so I can draw parts that
16 you're familiar with. I'll just draw a trigger block safety for
17 the 700. It's basically the same for any assembly. Bear with
18 me, I haven't been a designer for a few years.

19 (Witness drawing diagram, after which:)

20 A. If you had a trigger block safety and I'm going to call
21 this a trigger block safety right here. Here's the sear,
22 here's the firing pin, here's the firing pin spring trying to
23 drive the firing pin forward, here's my engagement. Here's my
24 block. I pull the trigger and it can only go just a little
25 ways ahead. I have to allow a slight amount of clearance there

1 because if I wedge it tight then I can't activate my block back
2 and forth. Do you see what I'm saying? I'm talking about a
3 cylinder like would go in and work back and forth perpendicular
4 to the trigger. The block I'm talking about would pass in and
5 out of the assembly like this.

6 Q. It would be like a plunger?

7 A. Yes. So if I have a plunger that goes in there and blocks
8 the trigger, I need just a tiny bit of clearance. Now if you
9 think about it, the engagement that we're talking about here to
10 get a good trigger pull we're talking 15 to 20/1000, so let's
11 say that I need just 2/1000 clearance, I'll make a real small
12 amount. Let's say I got a diameter on that because it's fitt-
13 ing in a hole and I've also got to have a diametric clearance,
14 you know, I drill a hole and I've got to have some steel piece
15 that fits in that hole so I've got to have a little clearance
16 there for the part to actually slide back and forth. See,
17 that's another thing thousandths. So that's like 3/1000. Now
18 I know that the corners on my sear because they're such high
19 stress are going to round off just ever so slightly, so if
20 each one of them rounds off like say 2/1000, there I've kept
21 reducing it and now I've reduced my engagement from 15 to
22 20/1000, I've taken out, what, 2/1000 here, 3/1000, 4/1000,
23 5/1000, just at a minimum and that says that my amount of steel
24 that I have holding that rifle in on safe position could be
25 down to 10/1000 of an inch. So that safety is depending on a

1 block trigger type safety, it's depending on 10/1000 of an inch
2 of steel to hold that on an on safe position. Now if the
3 shooter for whatever reason should make any kind of adjustments
4 to his engagement or something else, then that safety system is
5 going to be impacted. You know, for example if you try to
6 decrease your amount of engagement then you run the trigger
7 right into your plunger and it will block your safety from
8 working.

9 Q. Let me ask you this, Mr. Linde, in terms of the way this
10 functions and if I'm understanding what you're saying here.
11 You have this plunger here and you have these tolerances here.
12 Let's say this plunger was not placed correctly when the part
13 was manufactured so it's out here a little bit further. Let's
14 say your engagement -- let's say that your engagement has
15 changed a bit through this wear so that when you pull this
16 trigger it can move a bit forward. Now is that something that
17 could happen in the way this trigger functions?

18 A. Oh yes.

19 Q. And let me ask you this then, when that happens and you can
20 move this trigger further forward, when this plunger is
21 released which is how you take the safety off, the trigger may
22 go forward, is that correct?

23 A. Yes, it would.

24 Q. And when this trigger goes forward, the gun will fire, is
25 that correct?

1 A. That's right.

2 Q. And is that what you would call a fire when the safety is
3 released?

4 A. Yes, that would set off that condition.

5 Q. So the functioning of this trigger block safety is such
6 that if you don't get this plunger placed right or you get some
7 wear in perhaps this engagement figure or some movement down in
8 here, then when this plunger is removed, taking the safety off,
9 the gun will fire when the safety is released, is that right?

10 A. That's right. The other fact on it is that if the rifle is
11 impacted, for example if you should drop your rifle and it took
12 a big impact right there, then that small amount of engagement
13 is what's holding your whole firing mechanism from firing.

14 Q. And with this trigger block safety the way that it func-
15 tions you don't have anything blocking the sear here, is that
16 correct, you just have --

17 A. That's your whole safety.

18 Q. Is right here?

19 A. Your engagement is your safety.

20 Q. So you have combined an element of trigger pull in the
21 functioning of this rifle with an element of the safety, is
22 that correct?

23 A. That's exactly right.

24 Q. Now the model 700 also has what's called the two position
25 safety and what's the function of the two position safety?

1 A. The function of a two position safety is it's either on
2 safe or it's off safe.

3 Q. And it has a bolt lock, is that correct?

4 A. Yes, this rifle has a bolt lock.

5 Q. And what are the functions of a bolt lock?

6 A. The bolt lock retains a bolt latching rifle in a ready to
7 fire position. On a bolt action you have a bolt that's pro-
8 truding and just by the nature of that, if that bolt catches on
9 something and opens partially and you want to fire the rifle,
10 the rifle will not fire. The firing pin comes down, catches on
11 the back of a cam and never impacts the primer on the
12 cartridge.

13 Q. Now is one function of a bolt lock such that if someone
14 comes up to a rifle as Mike Lewy has testified he did in the
15 basement of the Lewy home on the first floor of the Lewy home
16 and forgets whether that rifle is loaded or not and armed, when
17 he pulls that bolt and it's locked, does it dawn on him, does
18 it dawn on the user that the gun is armed?

19 A. Yes, if you could use it that way. That's especially handy
20 if for example at night or in a condition when you can't
21 readily see the safety.

22 Q. So the bolt lock served as a function to let you know
23 whether the gun is on safe or off safe, lets you know that the
24 gun is on safe even if it's dark, it's early and you're in a
25 duck blind or a deer blind rather.

1 A. I hope you're not in a duck blind.

2 Q. That's right. I hope Mr. Headley's in the duck blind. And
3 that's one of the functions of a bolt lock, is that correct?

4 A. That's right.

5 Q. Now we've heard a lot about design changes, design change
6 requests or DCRs. Would you tell us briefly what is a DCR?

7 A. Yes, a design change request is a piece of paper that's
8 initiated with a design change that states what's actually
9 going to be changed and some of the features about the change.
10 It's initiated by the people in research. Normally it's done
11 by a research engineer.

12 Q. Okay. You have a research group or a research department
13 within Remington Arms, is that correct?

14 A. Yes, we do.

15 Q. Could you tell the jury and the Court a bit about the
16 research group or department within Remington Arms?

17 A. Well, I could go back to when I was in the research depart-
18 ment and that would be 1977. At that time we had about 55 to
19 57 people. We had about 35 what they call exempt people,
20 that's management engineering types, and we had about 20 people
21 who were technicians or model makers. We had a design area and
22 we had two groups of design area, one group working on manually
23 operated rifles and shotguns which I was a manager of and --

24 Q. Now manually operated, that would include bolt action
25 rifles?

1 A. Yes.

2 Q. Okay.

3 A. Yes, and the other group was the auto loaders. We make of
4 course 1100 and a 742 at that time, both a center fire and a
5 shotgun auto loading guns. And another individual was the
6 manager of that group. We have a test lab as I alluded to
7 earlier where we do our strain measurements, where we do our
8 work on pressure testing, where we do a special hand loading,
9 dry cycling function, endurance functioning of shotguns and
10 rifles.

11 Q. Let me ask you a moment about the test lab. Let's say
12 you've been making this model 700 since 1962 but say in 1969
13 you brought out a new version of it like a left-hand version or
14 maybe you changed the styling some or whatever. Would that gun
15 still go through the test lab even though it had been manu-
16 factured in general since 1962?

17 A. Yes, every change would go through the test lab and in fact
18 if it was a new model or a major design change, it would go
19 through the test lab as the initial models that are made in the
20 model shop in the research area and then it would go through
21 the test lab when the initial pre pilot production run was com-
22 pleted and then it would go through the test lab when you
23 sample what production is doing at some kind of intervals.

24 Q. And let's explain a little bit further. I think you men-
25 tioned a dry cycle machine that you worked on. With regard

1 to dry cycling a rifle, that in essence is like dry firing it,
2 putting it through all the functions but without a live round
3 in the chamber, is that correct?

4 A. That's correct.

5 Q. And typically how many times would you dry cycle a product
6 that you were testing such as maybe just a new model of the
7 model 700?

8 A. It would depend on what mechanism we were dry cycling.

9 Q. Okay. Like if you were testing on the fire control, the
10 safety mechanism, how much would it be dry cycled?

11 A. Well, I can give you an actual example there on a safety
12 mechanism. We go 50,000 safe off-safe on cycles.

13 Q. Okay. So you dry cycle the safety 50,000 times, is that
14 correct?

15 A. That's right.

16 Q. To see that as designed it will function properly, is that
17 correct?

18 A. That's right.

19 Q. And if you were testing the fire control in terms of the
20 trigger pull, can you dry fire it in this dry cycling machine
21 in the test lab?

22 A. We have done some of that where we've put transducers on
23 and then run what we call strip chart recorders where as a
24 thing is functioning you can measure what your stress levels
25 are.

1 Q. Okay.

2 A. And to see if there's a change over time.

3 Q. Now I take it that this research department that you've
4 described to us is constantly making design changes, is that
5 correct?

6 A. Yes, that's their job is to develop new products and to
7 make product improvements and also they work with any kind of
8 alterations, you know, in the real world while there is con-
9 tinually changing in materials, people discontinue one type of
10 plastic material, bring in another kind of plastic material,
11 there's continual changes in the capability of different
12 vendors so you continually have to be, you know, being alert to
13 what's happening and to test as changes happen.

14 Q. And these design change requests, these DCRs go all across
15 the board on all the products, is that right?

16 A. Yes, I guess from our experience is that Remington is
17 always much more aggressive on the research and we felt that
18 the research was kind of a key to our future and we've probably
19 introduced more new models than any other manufacturer as
20 evidence of our research effort and we early on felt that there
21 was a real threat from the Japanese and from other American
22 competitors and we've continued to push to improve our product.
23 So there's been a real number of improvements that we keep
24 making that extra little improvement to make our product better
25 and maintain our competitive position so we don't get steam-

1 rolled by the Japanese or somebody else.

2 Q. All right. Let's talk a bit about the manufacturing
3 process, manufacturing of Remington products. Now are all
4 Remington products firearms products? I'm talking about the
5 rifles or the shotguns, not the ammunition. Are all Remington
6 firearms made in one place?

7 A. Yes, all the Remington firearms are made at Ilion, New
8 York. We do have vendors make certain parts for us. In fact
9 it's ironic that right now we're getting some stocks and this
10 is the first time we've ever had wood made outside our plant,
11 actually being made in Lexington, Missouri.

12 Q. No are all these rifles put together in Ilion --

13 A. Yes.

14 Q. -- and as I understand it that's the same place where
15 Eliphalet Remington made his first rifle in 1816.

16 A. That's Eliphalet.

17 Q. Eliphalet.

18 A. Yes, it is.

19 Q. It's up there in the Mohawk Valley, 30 miles from the base-
20 ball hall of fame, is that right.

21 A. Yes, it is.

22 Q. Okay. And there's been some reference to mass manufactur-
23 ing or assembly line as we've heard as the plaintiffs have put
24 on their case. Is the Remington model 700 mass manufactured?

25 A. No, all the Remington models they're assembled by one indi-

1 vidual. The individual has a bench and on that bench he
2 usually has a vice and a support piece and then all the parts
3 for that rifle or shotgun are right ahead of him and then he
4 takes the parts and he assembles a complete rifle or shotgun
5 himself and then when he's all done he stamps it with his stamp
6 which is a symbol for his signature.

7 Q. So as I understand it you've got subassemblers who might
8 make something such as the fire control.

9 A. That's correct.

10 Q. And then you've got final assemblers and they would put the
11 fire control and the receiver and the wood and put the iron in
12 the wood and put the whole rifle together, is that right?

13 A. Yes, that's correct.

14 Q. And that individual stamps that rifle which is his signa-
15 ture that he put that rifle together, is that right?

16 A. That's exactly correct.

17 Q. And you can look right here on the Mike Lewy rifle, you can
18 look right here on this other 700 and you can see by code who
19 made that rifle, is that correct?

20 A. You can see that it was proofed, that it was magnafluxed,
21 who assembled it, who final inspected it, who targeted it and
22 what month the rifle was produced and what year.

23 Q. We'll touch on that later. In addition to being final
24 assembled and stamped, it's final inspected and the person that
25 does that also puts his signature right here on the rifle, is

1 that correct?

2 A. That's correct.

3 Q. Now there's been some reference during the plaintiff's case
4 to piecework or piece rate, is the Remington model 700 made on
5 a piece work rate?

6 A. We have at the Remington plant what we call a modified
7 incentive system and the modified incentive system is that on a
8 lot of the jobs we have them timed out on how many parts you
9 can make in an hour. Now if the individual as he gains
10 experience and competence and really understands what he's
11 doing and if he wants to work harder, if he does 105%, we'll
12 pay him 105%. If he'll do 110%, we'll pay him 110%. We'll pay
13 him up to 130%. We won't pay for anything more than 130%.

14 Q. So it's not piece rate then in terms of you're not saying
15 as many as you can make you're going to get paid for them?

16 A. No, no, it's an incentive so that if the individual wants
17 to sit right there, he wants to work diligently all day, then
18 we'll give him incentive to do more.

19 Q. All right.

20 A. And it works for him and it works for us.

21 Q. Now you can tell through these stampings, as I understand
22 it, who put together particular things, is that correct?

23 A. That's very much so.

24 Q. And if you discover that someone is moving too fast, going
25 for the 130%, can you trace back who that person is?

1 A. Yes, you can trace back and then he can be moved back on
2 his wage.

3 Q. Okay. So he or she could be docked if they were making
4 too many parts too fast and they were not functioning properly
5 as they were inspected through the manufacturing process.

6 A. That's correct.

7 Q. Now you mentioned that you were in process engineering and
8 control and in fact I believe you told us that you were head of
9 process engineering and control commencing in 1978, is that
10 correct?

11 A. That's correct.

12 Q. And could you describe for the jury and the Court what
13 process engineering and control is in terms of its function?

14 A. Yes, there's a number of different departments. Each of
15 them had a supervisor. The control stands for quality control
16 and we had a quality control group. This group has our incom-
17 ing parts inspection, our incoming material inspection, our
18 auditors who audit and walk throughout the factory and audit
19 the various jobs, the gallery was also under quality control
20 where we shoot every rifle and shotgun and the final inspection
21 is quality control. So quality control had everything from in
22 line inspectors to final inspectors to gallery people and also
23 all your incoming inspection. Also the quality control would
24 be concerned with incoming inspection of fixtures because you
25 want to make sure that your fixtures are correct that go on

1 your machines and they would be the ones on incoming new
2 machines to run the tests to make sure that the machines will
3 perform the way they're supposed to. Another part of that is
4 the cutter grind. We had two people who worked in quality
5 control who did nothing but inspect cutters because one of the
6 things we do is we machine a lot of steel.

7 Q. Now what is a cutter?

8 A. A cutter is, you know, it's a sharp cutting instrument that
9 goes in a milling machine that rotates and cuts the steel to
10 form the parts.

11 Q. And you buy those cutters, is that right?

12 A. Very definitely I think the best example of what we're
13 doing is if you take a model 1100 shotgun we start with a 12
14 ft. piece of steel, we cut it into receiver blanks, cut it into
15 receiver blanks that are as long as the receiver, they weigh 7
16 to 8 lbs. and we completely cut all that steel out of that
17 receiver and we end up with a one pound part. So cutters are
18 very very much a real part of our operation. Now the model
19 700, as you can see, we don't remove as much steel on that but
20 still there's a tremendous number of machine operations and
21 cutters are very important to it.

22 Q. Now we've heard a bit, the alphabet soup that we're making,
23 we've got PRCAs, process record change authorizations. Could
24 you explain to the jury and to the Court what those are?

25 A. This is a change in the process and this is just a notice

1 that there's going to be a change in the process and we circu-
2 late it though the various groups. It changes the process
3 record, it changes whether there's a pay standard. It changes
4 our internal accounting so we know how to account for the oper-
5 ation that we're adding.

6 Q. Is that a constant process, change?

7 A. I would say that there's probably over 1200 process record
8 changes a year.

9 Q. In fact thousands upon thousands over the course of years,
10 is that correct?

11 A. Oh yes.

12 Q. Not just on the model 700 but we're talking in terms of
13 process engineering and control, you're making thousands and
14 thousands of changes to improve the product, is that right?

15 A. Very definitely.

16 Q. And this is just natural.

17 A. That's right, that's a part of our job.

18 Q. Now you touched a little bit on quality control.

19 A. I didn't go through the other departments.

20 Q. Okay. Well, let's go to quality control briefly.

21 A. Well, we're in quality control.

22 Q. Well, let's stay in quality control then.

23 A. Okay.

24 Q. Some parts are made within Remington within this fire con-
25 trol and some parts are purchased parts as I understand, is

1 that correct?

2 A. That's correct

3 Q. Let's take some of the parts that you make within Remington
4 like this sear or this trigger.

5 A. Yes.

6 Q. Now what is the function of quality control with regard to
7 for example the sear or the trigger?

8 A. On the sear and the trigger, as we were talking about,
9 your tooling inspection, the quality control could be involved
10 in this. These parts were made in our powdered metal operation
11 which is a little different than our metal cutting operation.
12 But the quality control there is involved with making sure that
13 the tooling is correct and then auditing the job at some fre-
14 quency to make sure that the parts are indeed being made
15 correctly. At Remington a lot of the quality functions were
16 actually given to the operator. The operator's gauge their own
17 work and are responsible for their work and held responsible
18 and then your auditors, what they do is they just randomly
19 audit to make sure, yes, this is being done correctly.

20 Q. Do you take a statistical sample of the parts that are
21 made?

22 A. Yes, in your manufacturing and your basic part when you're
23 machining or forming your statistical sample. When you get to
24 the end of your line where you're putting the parts together
25 and what have you, you check them 100%.

1 Q. Okay. Now with regard to the statistical sample earlier,
2 is that done in accord with some standard means?

3 A. Yes, we just, you know, we follow a standard I think it's
4 developed by Bell Labs, a standard sampling program.

5 Q. Is that a sampling program that's used throughout many
6 manufacturing industries?

7 A. Yes.

8 Q. Okay. And I think the point you made in addition to sta-
9 tistically sampling them as batches are being made, each one is
10 also 100% inspected before it becomes part of the fire control,
11 is that correct?

12 A. That's right.

13 Q. In other words, you've got this hand assembly work that's
14 sitting there putting this fire control together and he or she
15 inspects each one of these parts, is that correct?

16 A. That's right.

17 Q. And the function of inspecting these parts, both with the
18 statistical sample and having your subassemblers or your
19 assemblers inspect them is to insure that those tolerances are
20 being held, is that correct?

21 A. That's right, to insure that the parts will be usable.

22 Q. Now there are also parts within this fire control that are
23 not made within Remington, is that correct?

24 A. Very definitely. We don't make any pins, springs, stamp-
25 ings, screws, this sort of thing. Like the pins in the 700 we

1 buy from a company called Torrington and Torrington makes
2 roller bearings and they sell us all of our pins and they can
3 make them on the equipment they use to make roller bearings and
4 they give us a very accurate pin at a very reasonable price.

5 Q. All right Let's talk about something like the connector
6 whcih we've heard a lot about in this case already. Now that
7 is not made within Remington, is that correct?

8 A. No, it's not.

9 Q. It's a purchased part, is that correct?

10 A. That's correct.

11 Q. Now are all purchased parts inspected?

12 A. Yes, we have a purchased parts inspection area and they
13 come in and we sample the purchase parts against our drawing.

14 Q. Okay. Again this same statistical sampling, this Bell
15 standard which is used throughout the industry, is that
16 correct?

17 A. Yes, I don't know, you know, you say used throughout the
18 industry. There's many different sampling programs, there's a
19 standard statistical sampling program. This is accepted and so
20 when you put it on your drawings your vendor immediately
21 recognizes what you're doing and he just goes to his book and
22 he can pull it right out.

23 Q. Okay. And the purpose of this statistical sample is to see
24 if you've got a problem in a particular batch so you can
25 advise the vendor, the one that's making it, is that right?

1 A. That's correct.

2 Q. And each one of these parts such as the connector is also
3 100% inspected by the actual assembler that is putting that
4 conductor on the trigger, is that correct?

5 A. Yes, it is.

6 Q. Now let's say you change vendors, let's say you have used
7 one vendor as a supplier for a connector and you went to some-
8 body else, would you test that vendor's products to make sure
9 that he could make them properly?

10 A. In firearms you really don't deal that way. What you do,
11 if you're going to another vendor your engineers go call on
12 their engineers and you sit down with a part print and you go
13 all through it and you sit there and mutually talk about what
14 they can do and what they can't do and what they'll have to do
15 to meet your specifications. And so you continue to work
16 together if they're interested and then they'll give you a
17 quote on what they think they can do and you review it and then
18 you continue to work back and forth. If it's mutually benefi-
19 cial to both then they'll buy the tooling and start making
20 parts and they'll send your first samples in to you and then
21 you take it and your purchase parts inspection has nothing to
22 do with it, your engineers go through and check all their
23 samples and figure their capability and from that you start
24 establishing an inspection plan for this vendor and then from
25 then on, as he starts sending in lots of parts, then you pick

1 up in purchase parts inspection.

2 Q. So you take a lot of care with regard to these parts that
3 you're buying from somebody else that are going to go into this
4 fire control?

5 A. Oh very definitely.

6 Q. Now do you also have a gallery within Remington?

7 A. Yes, the gallery is an area where we proof every gun.
8 Firearms they have to withstand, you know, fairly high
9 pressures. A bolt action rifle operates normally between 50
10 and 54,000 per square inch so you know when you touch that
11 primer off and it ignites the powder, that's the kind of
12 pressure you're talking about. So we're very very concerned
13 about high pressure and in the gallery we proof test and that's
14 where you put in a high pressure overload. We proof test every
15 gun we manufacture. We have a device, it's like a box really,
16 enclosed box where we place the rifle, put the high pressure
17 proof around it, enclose it, close up the container and fire
18 the rifle remotely.

19 Q. And that's to test the strength of this bolt action rifle
20 which you mentioned is one of the considerations for a bolt
21 action rifle.

22 A. Very definitely. The gallery then, after it's proofed like
23 in the case of the model 700, you'd move on to your test at
24 target and we were talking about accuracy earlier. Well, we
25 shoot every 700 at 100 yards and so we set the 700 up and we

1 target it and when we target it we also check for sight align-
2 ment to make sure that you know where it's pointing the sights
3 will come right to that point, so that we know when we ship a
4 700 that it's going to shoot where you're pointing it and it's
5 going to shoot a good groove.

6 Q. Okay. Let's go to the actual manufacturing process for the
7 model 700. Let's put this model 700 fire control together and
8 what I'd like for you to do is to describe for the jury and the
9 Court how this fire control is put together at the Remington
10 factory in 1975 when Mike Lewy's model 700 was manufactured.
11 let's start with the subassembly process when the fire control
12 is put together.

13 A. Okay. The first thing you would do if you were on a sub-
14 assembly on the fire control is you would take the connector
15 and you would pick it up and you would look at it and you would
16 check to make sure it's straight and it's not warped from heat
17 treat. You would take it and put it on a flat steel plate and
18 you would crocus cloth the top surface and that's the surface
19 where the sear, you know, engages the connector because that's
20 critical because you want that to, you know, have a nice clean
21 break. You would then take and select a trigger and you would
22 put the connector on the trigger and you would just hold the
23 trigger there and you would sight to make sure that the trigger
24 is set square but you would make sure that this connector set
25 square and you would sight and if there was a problem, that is,

1 if it would rock on there, you could see daylight through the
2 -- between the connector and the trigger. You would then take
3 this assembly, that's the trigger and the connector and so
4 normally what the assemblers do is they just feel it with their
5 hands to feel if there's any burrs along the sides from the
6 forming operation and you slide it into the housing. You would
7 then select the housing, you'd take a look and, of course, in
8 all cases, and I won't go into this but in all cases firearms
9 have a number of requirements. Not only does the thing have to
10 function but it has to look beautiful. So when you're handling
11 these parts you have to always check to make sure that the
12 color is there, the polish is there, that you don't nick it,
13 that you don't scratch it and how you handle it. So I'm not
14 going to talk about that but all the assembly operations I want
15 you to know there's elements in there for looking for appear-
16 ance. So I'm just going to go through the straight, you know,
17 what's pertinent to this case. So we got the trigger and the
18 connector together and we checked the housing and then , we
19 slide it in, we put the pin in and now we've got the trigger in
20 the housing and we check and we slide -- we just move the
21 trigger back and forth and what we're doing is we're checking
22 to make sure that the trigger will work free within the
23 housing.

24 Q. So you're making sure that this trigger is not binding
25 within the housing, is that right?

1 A. That's right.

2 Q. Right there the very first thing.

3 A. And if there's any bind you pick it right up because
4 there's nothing there, there's nothing inside it. When you get
5 that then you go ahead and you take and you put in your screws,
6 your springs and your sear. Once you get these parts in then
7 you take and you check for a trigger retraction again to make
8 sure that your spring is retracting and you pull the trigger
9 and push up and down on the sear to make sure that the sear is
10 working free.

11 Q. Now let's explain for a moment. You're talking about
12 trigger retraction and that is so that the trigger comes back
13 all the way under here so that the sear can rest on top of it.

14 A. Yes, and then what you do -- you take and pull back on the
15 trigger and then you just push it and make sure that the sear
16 works free?

17 A. From that point you go on and --

18 Q. You want me to move it closer?

19 A. From that point you just go on and put the safety lever
20 with -- there's a deeply involved spring and clip and you put
21 this assembly on. Then you just slide that back and forth to
22 make sure the safety works correctly, you know, that it's got
23 two good detent positions. You take and you slide it up and
24 then right through this hole, this inspection hole, you take --
25 they've got a little container and you squeeze lithium disul-

1 fide, a dry powder lubricant. And then the trigger assembly

2 --

3 Q. Then you -- excuse me.

4 A. Excuse me, I got ahead of myself. I'm rushing the job.

5 Q. What you do before you put the assembly --

6 A. Okay. Let's go right back to where we were where I checked
7 the sear before I got back up on the chair. After you check
8 the sear, you take this housing without the safety assembly and
9 you take it over and you put it in a fixture on a 10 power
10 optical comparator.

11 Q. What's that?

12 A. An optical comparator I guess could be best described like
13 you know, if you had done shadowgraphs, if you've got a light
14 and you hold your hand up there and it projects out a bigger
15 image?

16 Q. Like you're making a rabbit?

17 A. Yes, yes.

18 Q. Okay.

19 A. We have what we call a 10 power optical comparator and it's
20 a screen and it's got line on the screen that you can see nad
21 you project a light right through this hole, that inspection
22 hole and you can see your critical measurements of engagement
23 and overtravel. We have this trigger assembly in this fixture
24 and we're shining the light through it and now the operator can
25 see that. Now these parts then are just the same size that you

1 are seeing them here because this is a 10 power model or 10X
2 model so what he is seeing is he's seeing these parts the size
3 that you're seeing right here. If you had a one inch on that
4 optical comparator, it's 10 inches. If it's 20/1000, then it's
5 10 times 20/1000, it's 200/1000. So that's -- the amount that
6 you see is what he sees. He takes it and the first thing that
7 he does is he comes back here and he adjusts the engagement.
8 And he brings it up to a line on the comparator and he gets it
9 between 15 and 20/1000. Then he takes and there's in this
10 picture which I never mentioned, there's a load pushing down on
11 here which duplicates the load due to the firing pin, so it's
12 pushing down on here and then he takes a dead weight which is a
13 deal that grabs on here, dead weight. It's got a pulley with a
14 dead weight on it so you know exactly how many pounds are on
15 it, hooks this up to the trigger and he just backs the spring
16 back until the trigger goes and he's adjusted his trigger pull.
17 Then what he does is he adjusts his overtravel by holding back
18 on the trigger and turning this trigger engagement or trigger
19 overtravel screw until that connector comes up onto the line on
20 the comparator which allows about 5/1000 clearance for the
21 release. So then he takes the trigger assembly out of the com-
22 parator and he puts the safety assembly on it as I described
23 and then he shoots the lithium disulfide in the inspection hole
24 and the trigger assembly is built.
25 Q. Now you mentioned this dead weight that's hanging there.

1 What is the weight of pull when this rifle is made in the
2 factory?

3 A. It's set at between 3 and 5 lbs. is the specifications for
4 the 700 rifle.

5 Q. And why is it set between 3 and 5 lbs.?

6 A. Because that's what we have determined to be a safe limit
7 for a hunting rifle.

8 Q. And this assembly, it's resting in a bench, it's fixed in a
9 bench, is that right?

10 A. On the optical comparison it actually sits in, you know, a
11 rigid fixture that holds it rigidly so that you can adjust that
12 comparator to bring what we call up to zero, so you zero on the
13 parts and you bring it into register and then you adjust it.

14 Q. Okay. I'm not sure that you mentioned it, also when these
15 screws are placed in the proper adjustment by this subassembler
16 who has put it all together and used the optical comparator,
17 used the dead weight, are these screws -- something done with
18 those screws?

19 A. The screws -- when the subassembler or assembler is all
20 done, he seals the screws with a cement and when you see that
21 the screws are seals with cement, you know, that's kind of a
22 universal accepted principle that that's something that you
23 don't tamper with, that that's been adjusted and that obviously
24 is an adjustment screw and it's sealed. It's also an added
25 advantage for us because if we get a rifle back and there's

1 something wrong with it, we can look and see if the cement has
2 been taken off the screws and the screws have been adjusted.

3 Q. All right. Okay. Would you like to take the stand if
4 you're done?

5 A. Yes.

6 Q. On this. Okay. Mr. Linde, we've made this fire control
7 now and I don't want to do everything else that makes this
8 rifle but I'd like to follow this fire control along a little
9 bit. The fire control next becomes, after this subassembly
10 process, it next becomes part of the final assembly process, is
11 that right?

12 A. Yes, all the parts are then -- the trigger assembly, the
13 stocks and barrel assemblies and what have you, they would go
14 to a final assembler and do you want to go through the final
15 assembly?

16 Q. I would like to please.

17 A. The final assembler, he assembles, you know, everything
18 from the front sight, the trigger guard, the wood, but I won't
19 go into the other details, he also puts the bolt assembly
20 together. I'll just go into the assembly of the trigger
21 assembly to the rifle. The final assembler would obviously
22 take the trigger assembly and he would fit it to the rifle. He
23 checks to make sure the trigger assembly fits right into the
24 rifle and there's no binding when the trigger assembly goes up
25 into the rifle. He would drive the pins through, through the

1 front first and then through the back and then he would
2 assemble, there's a bolt release that goes up on the other side
3 that's not shown on this model but down on the trigger there's
4 a little release that releases the bolt when you take the gun
5 in and out and that's also on the side of the trigger assembly.
6 So he has to put that mechanism on the side when he puts the
7 trigger assembly to the rifle. It's not significant so you
8 don't have to remember that.

9 Q. All right.

10 A. We'll move on.

11 Q. Okay. I don't want to interrupt.

12 A. So he's got it in there and the first thing he does when he
13 gets it in there is he pulls back on the trigger and then he
14 would again take and push up and down on the sear to make sure
15 that the sear is working free. Then he would take and assemble
16 the bolt, then he would assemble the bolt to the rifle. Now
17 what he really does is he goes through and duplicates what the
18 other assembler did. He goes through and he'll visually --
19 he'll look into the hole and visually check to make sure that
20 we have engagement, that we have 15 to 20/1000, and he can do
21 this -- he can tell within that because he's looking at them
22 every day. Then he goes and he puts the safety in the on safe
23 position and he checks for the amount of clearance to make sure
24 there's a clearance between the sear and the trigger connector
25 so that the safety will function correctly. He then will take

1 and raise the bolt handle to make sure that the bolt lock is
2 working, then take and push the bolt lock off like that, fire
3 the rifle, make sure that the rifle fires, he'll open it back
4 up again and then he'll hold the trigger back and close the
5 bolt with the trigger held back and what he's doing there is
6 he's checking to make sure that the overtravel, that the sear
7 and the connector have enough clearance for the sear to get by
8 when you close your rifle, so what he's done there is he's
9 really essentially went back and rechecked what we've just done
10 in the previous operation. From there, let me think.

11 Q. Does he check trigger retraction at some point?

12 A. Yes, he does, yes he does. When he goes through and checks
13 the sear he checks the trigger retraction but the next step he
14 would do as I recall, he would go and he would check for a jar
15 off.

16 Q. Okay.

17 A. And he's got the bolt in it so what he does there is he
18 does two things. We were talking about how the block trigger
19 safety works and how the 700 works where you're blocking the
20 firing pin. One of his jar off tests, he puts the rifle on
21 safe and then he takes the back of his hammer which is the wood
22 part and he pushes against the firing pin with a high degree of
23 force to make sure, you know that we have good abutment right
24 here with the firing pin.

25 Q. He also take and he'll take and then put the rifle in the

1 fire position and he'll take it and he'll very smartly, very
2 focibly force the bolt back and forth three times to make sure
3 that the rifle does not fall down or jar off.

4 Q. Okay. The jury has heard, I believe, about fall down, slam
5 fire, jar off, to some extent. Now in testing for this, is a
6 function of that test also to make sure that the connector has
7 returned all of the way under the sear, that you have adequate
8 engagement?

9 A. That's right. Probably the biggest problem if there's a
10 jar off is where you have a minimum engagement, either having
11 the engagement off by the engagement screw or the trigger
12 return spring back down where it's not returning the trigger
13 underneath the notch.

14 Q. Okay. There's been some discussion of hair trigger with
15 regard to this engagement screw back here. Now is hair trigger
16 also a function of this trigger spring screw up here?

17 A. No.

18 Q. You said hair trigger. Hair trigger is --

19 A. If you have just a tiny bit of engagement, just enough to
20 hold it, like that, now that would be a hair trigger because if
21 you just jar it or you do anything to the rifle, it's going to
22 go. Now you can get that hair trigger by somebody cranking in
23 on the engagement screw, right, adjusting it, you could get it
24 by somebody cranking out the trigger return spring so that it's
25 not returning the trigger under there, you could get it by

1 somebody grinding away the sear or you could get it by somebody
2 grinding away the connector. You know there's a number of ways
3 to get a hair trigger but what really it sets up is you're just
4 sitting right there like on a knife edge and if it's jarred or
5 anything happens, it's going to go.

6 Q. I believe we had you at the fall down or jar off test in
7 final assembly. Proceed. Go ahead.

8 A. After you've checked it to make sure, you know, that it
9 will not fall down or jar off then you would go ahead and you
10 would go through your safety checks and check your safety
11 function. The first thing you do there is you pull back on the
12 trigger and they're supposed to pull back, you know, they pull
13 back with, I don't know, anywhere up to 30 lbs. force, but
14 anyway you pull back very vigorously. You pull back, and this
15 is with -- you pull the trigger back and this is with the
16 safety on and you let up on the trigger and make sure that the
17 gun does not fire. Then what you do is you take and you put
18 the safety into the intermediate position, that's halfway
19 between safe and fire, pull the trigger again, let up on it,
20 make sure that the rifle doesn't fire and then you put the
21 safety all the way to the fire position and make sure that the
22 rifle doesn't fire. So you go through and you do that, you go
23 through that sequence, you follow it through and each time when
24 you're pulling the trigger and you let up on it, with the
25 safety in the on safe position, you've taken the loads off of

1 the -- the firing pin loads off of the mechanism. They have to
2 clear. When I put the safety on the on safe position, remember
3 when I said we've got a clearance here and we lifted up here
4 and we're pulling the firing pin back? We're pulling that
5 firing pin back spring force away so there's no -- you know,
6 there's a minimum of friction on the trigger so when I pull the
7 trigger in this case, I don't have that frictional component
8 and if there's any drag or what have you in the trigger, then I
9 can feel it.

10 Q. So you're checking trigger retraction.

11 A. When you checking for trigger retraction in the tests you
12 can feel the trigger retract because you don't have the fric-
13 tional component that's also acting against it.

14 Q. And the person that's doing this, this final assembler, he
15 has to feel that retraction for the gun to pass?

16 A. Oh yes. He can feel it. I mean, you know, you've got to
17 remember that he's not doing just one, he's doing a number
18 every day and it's just like with your automobile, when you get
19 in there and all of a sudden there's something different, you
20 know there's something different even when it's a subtle
21 difference. So when he goes through and does these tests he
22 repeats that cycle three times. He goes through that sequence
23 where he puts the rifle on safe, pulls the trigger, releases
24 and tests for retraction, puts it in intermediate position,
25 pulls the trigger releases and then goes to the full fire posi-

1 tion and checks to make sure that the rifle doesn't fire.

2 Q. So in that test you're testing for the trick condition, is
3 that correct?

4 A. Yes, you are.

5 Q. And you're testing for the FSR condition?

6 A. Yes, you are.

7 Q. And you do that how many times?

8 A. Three times.

9 Q. Three times.

10 A. Yes.

11 Q. So this is the final assembler has this rifle, this fire
12 control has already been assembled, it's been checked for
13 retraction, it's been checked for binding, it's been checked
14 for all of these factors but the final assembler checks each one
15 individually for FSR and trick three times.

16 A. That's correct.

17 Q. Okay.

18 A. Then he would take his trigger pull gauge and he would
19 check the trigger pull and he would check it three times making
20 sure, you know, it's consistent from time to time and it's
21 within the 3 to 5 lb. standard.

22 Q. And then what does he do?

23 A. When he gets all done with it --

24 Q. Does he stamp the rifle?

25 A. You bet he does. When he gets all done with it that's the

1 last thing he does is he stamps it, he stamps his symbol on
2 it.

3 Q. So he's signed that rifle?

4 A. Yes.

5 Q. He's put it through all the tests.

6 A. Yes.

7 Q. All the checks and then he sends it on.

8 A. Yes.

9 Q. And where does it go next?

10 A. Then it would go to the gallery and it would be proofed as
11 I discussed previously where you put the high pressure load in
12 it and then it would go from the proof testing to the function
13 and target area where its functioned and the function part of
14 it, what we're doing is we're checking to make sure that the
15 rifle extracts, ejects, that it feeds out of the magazine and,
16 of course, I discussed the accuracy to make sure, you know,
17 that the rifle shoots where you're pointing it and shoots very
18 accurately.

19 Q. Okay. And how many rounds do you usually put through it?

20 A. It depends upon the rifle and the number of seeders, what
21 they call seeder rounds where you shoot a number of rounds,
22 it's shot from a fixture and this fixture recoils back and
23 forth with the rifle and when you put the rifle in, the first
24 couple of shots are just what we call seeders that are setting
25 it in the fixture and it could go anywhere from like four mini-

1 num up to 10 or 11 rounds.

2 Q. And then after you target shot it 4 to 7 lbs. and you've
3 proof tested it with this high pressure round, then do you do
4 some other tests in the gallery?

5 A. When the gallery tester is all done then he takes the rifle
6 and checks it obviously for live ammunition and then he puts
7 the safety on safe and he checks the bolt lock to make sure
8 that the bolt lock works and then he takes and again he goes
9 through and he pulls the trigger, releases the trigger, clicks
10 the safety, in this case from the safe to the fire position,
11 recocks the rifle, full stroking the bolt, puts the rifle again
12 on safe, then he goes to the intermediate position and he pulls
13 the trigger and then he goes from there to the fire position.

14 Q. Okay. Now you've described this situation here in the
15 gallery after this gun has been test fired and proof fired with
16 this high pressure round that the gallery tester will test it
17 for FSR, is that correct?

18 A. Yes, and he also checks it for the jar off, he closes it
19 very crisply and very forcefully three times checking for jar
20 off and he also checks it with the hammer against the firing
21 pin head to make sure it doesn't jar off.

22 Q. Okay. So let's back up. You've got the FSR test here in
23 the gallery and that's done.

24 A. Yes, it is.

25 Q. And it's done how many times?

1 A. Three times.

2 Q. And you've got the trick test here in the gallery and it's
3 done how many times?

4 A. Three times.

5 Q. And you've got this jar off test where you're hitting it
6 and that's done?

7 A. Three times.

8 Q. Three times. Okay. So you had a rifle that's been through
9 subassembly and it's been checked. It's been through final
10 assembly and it's been FSR tested three times. It's been trick
11 tested three times. And you test fired that rifle, you put a
12 proof round through it and then you test it for FSR again three
13 times, you test it for trick three times and you check it for
14 fall down three times, is that correct?

15 A. That's correct.

16 THE COURT: We'll recess until 9:30 tomorrow morning.

17 (COURT IN RECESS AT 4:55 P.M.)
18
19
20
21
22
23
24
25